

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
CENTRAL DISTRICT OF CALIFORNIA - EASTERN DIVISION  
HONORABLE JESUS G. BERNAL, U.S. DISTRICT JUDGE

ELECTRIC POWER GROUP, LLC, )  
 )  
 PLAINTIFF, ) Case No.  
 )  
 vs. ) EDCV-12-6365-JGB(RZx)  
 )  
 ALSTOM S.A., ALSTOM GRID, INC., )  
 PSYMETRIX LTD., and )  
 ALSTOM LIMITED, )  
 )  
 DEFENDANT. )  
 \_\_\_\_\_ )

REPORTER'S TRANSCRIPT OF ORAL PROCEEDINGS  
MONDAY, MAY 18, 2015  
9:00 A.M.  
RIVERSIDE, CALIFORNIA

\_\_\_\_\_  
ADELE C. FRAZIER, CSR 9690, CRR, RMR  
FEDERAL OFFICIAL COURT REPORTER  
3470 TWELFTH STREET  
RIVERSIDE, CALIFORNIA 92501  
ADELEFRAZIERCSR@GMAIL.COM

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**APPEARANCES OF COUNSEL:**

**FOR THE PLAINTIFF:**

CHRISTIE, PARKER & HALE  
BY: ART HASAN  
KYLE W. KELLAR  
DAVID A. DILLARD  
G. WARREN BLEEKER  
SAMI I. SCHILLY  
655 N. Central Avenue, Suite 2300  
Glendale, California 91203-1445

**FOR THE DEFENDANTS**

SHOOK, HARDY & BACON  
BY: ANGEL D. MITCHELL  
CHRIS DOVE  
2555 Grand Boulevard  
Kansas City, Missouri 64108-2613  
and  
SHOOK, HARDY & BACON  
BY: JAMIE KITANO  
One Montgomery Tower, suite 2700  
San Francisco, California 94104-4505

1 RIVERSIDE, CALIFORNIA; MONDAY, MAY 18, 2015

2 9:00 A.M.

3 THE COURTROOM DEPUTY: Calling item number 2, case  
4 number EDCV 12-6365-JGB, Electric Power Group, LLC, vs.  
5 Alstom S.A., et al. Counsel, come forward and state your  
6 appearances.

7 MS. MITCHELL: May it please the Court, your Honor,  
8 Angel Mitchell for the defendants. Also with me is Chris  
9 Dove and Jaime Kitano.

10 THE COURT: Good morning.

11 MR. HASAN: Good morning, your Honor. My name is  
12 Art Hasan from Christie, Parker, and Hale, and with me from  
13 the firm are Kyle Kellar, Mr. David Dillard, Mr. Warren  
14 Bleeker, and Ms. SamI Schilly.

15 THE COURT: Good morning to you all. The matter is  
16 on for several motions that have been filed by the parties in  
17 this case, and I want to deal with the threshold motion at  
18 this hearing, which is the motion by defendants for summary  
19 judgment based on invalidity pursuant to Title 35 U.S. Code  
20 Section 101. I focused my preparation on this motion because  
21 I feel this motion is a threshold matter. If I feel I'm  
22 going to deny the motion, I'll set further argument for the  
23 rest of the motions. Sorry I did not disclose this before  
24 today. It probably could have saved you some preparation  
25 time.

1           But I want to focus today on defendant's motion for  
2           invalidity based on the supreme court decision in *Alice* of  
3           2014. Under that framework, there's two questions to be  
4           asked and answered: The first question is whether the claims  
5           at issue are directed to a patent-ineligible concept. If the  
6           answer to that question is yes, then the second question  
7           becomes what else is there? In other words, is there an  
8           inventive concept that would transform the nature of the  
9           claim into a patent-eligible application of the  
10          patent-ineligible concept?

11           My tentative in this is to grant the motion. I do  
12          believe that both questions can be answered -- well, the  
13          first question is yes and the second question is no. So I  
14          will let you, Mr. Hasan, argue the motion. You may focus on  
15          either one. The first -- well, let's take it logically.  
16          Let's focus on the first question here, which is, the first  
17          question being whether or not the claims at issue are  
18          addressed to a patent-ineligible abstract idea. And I think  
19          the defendants have set forth what they believe is the  
20          abstract idea, which is the collection, analyze and  
21          quantification of data of multiple systems regarding  
22          electrical power output mechanisms or systems.

23                    What's your response?

24           MR. HASAN: Your Honor, we have some slides. May  
25          we put it up on the screen?

1 THE COURT: Has those been provided to the  
2 defendant?

3 MR. HASAN: Yes. We can provide it now, yes.

4 THE COURT: Please provide a copy. You may  
5 proceed.

6 MR. HASAN: I have paper copies if you'd like also,  
7 if I can approach.

8 THE COURT: Yes.

9 MR. HASAN: Your Honor.

10 THE COURT: Very well.

11 MR. HASAN: By way of just momentary background,  
12 the United States electrical power grid is considered the  
13 largest machine in the world. It has 2.7 million miles of  
14 power lines and millions of syncs and sources of load and  
15 source. Because electricity cannot be easily stored, it must  
16 be generated the instant demand rises. This causes fluxes  
17 that happen on a subsecond level. They happen in a way that  
18 is in milliseconds and faster.

19 In response, the patents-in-suit provide a  
20 wide-area real-time monitoring system for monitoring the  
21 electric power grid with the specifically claimed features.  
22 The claimed system receives massive amounts of ever-changing  
23 humanly incomprehensible data, which is very important here,  
24 from the electric power grid. It distills this data.

25 THE COURT: What do you mean by "humanly

1       incomprehensible"?

2               MR. HASAN: The data being accumulated in this  
3 system is at 60 cycles per second.

4               THE COURT: So because of its speed it can't be  
5 comprehended by humans, correct?

6               MR. HASAN: It cannot be comprehended at all. It  
7 would just be a blur.

8               THE COURT: Because of its speed? Not for any  
9 other reason, but because of its speed?

10              MR. HASAN: Because of its nature and its speed.

11              THE COURT: Okay. What's its nature?

12              MR. HASAN: Its nature are -- similar to *Arrhythmia*  
13 *Research*, which we did, the electrocardiograph signals. This  
14 was a federal circuit decision. The electrocardiograph  
15 signals cannot be understood by a human being unless they're  
16 interpreted by the system. So it's the speed and the nature  
17 here, your Honor.

18              THE COURT: Very well.

19              MR. HASAN: So it takes the humanly  
20 incomprehensible data from the grid, distills this data by  
21 deriving metrics, and then presents dynamically changing  
22 metrics by an operator as a humanly comprehensible  
23 visualization, all in real-time.

24              So one test here, just to jump forward, your  
25 Honor -- first of all, your Honor mentioned that the

1 defendants have set forth an abstract idea, but they never  
2 mentioned the abstract idea in any coherent sense. They  
3 shift many times as far as in their opening brief. For  
4 example, on Slide 11 they say, "These claims are drawn to the  
5 fundamental concept of receiving, storing, processing, and  
6 displaying data from a wide-area network.

7 Then in the next part, "Monitoring the electric  
8 power grid has long been a fundamental practice."

9 Then they talk about "...receiving, storing, and  
10 applying data."

11 Then they shift to monitoring the power grid on  
12 slide 12.

13 Then they talk about the idea of a real-time system  
14 using data from a wide-area network.

15 So what is the abstract idea here? In *DDR*, which  
16 is a federal circuit decision, that is 773 F.3rd 1245,  
17 recognized that defining the abstract idea in challenged  
18 claims was not as straightforward as in *Alice* and proceeding  
19 the claims, then, to be patent-eligible.

20 Similarly, in the *Helios* case that we cited -- in  
21 the *Helios* case as we cited in our brief, the defendant  
22 failed to show that these ideas are fundamental truths and  
23 fundamental principles. That's the same thing that's  
24 happened here.

25 The defendants in articulating, if you want to use

1 one of the ones that they've stated, the real-time wide-area  
2 power grid monitoring, which they brought up for the first  
3 time in their reply, your Honor, that's on slide 15, they  
4 then provide an oversimplified alleged abstract idea.  
5 They've simply glossed over many of the claim limitation. As  
6 stated by the court in *Intellectual Ventures 1, LLC, vs.*  
7 *Capital One* -- we cited this in docket 235, Exhibit B --  
8 "...to be sure the claims do recite words such as organizing  
9 and defining and identifying, but those words cannot be read  
10 in isolation from the remainder of the claim.

11 Similarly, in *Google vs. Simple Air*, which is from  
12 the Patent Trial and Appeal Board, the court said "Every  
13 method can be generalized to the point of abstraction if the  
14 claim language is ignored. Petitioner's generalized  
15 statements not directed to the specific language of the  
16 challenged claims are insufficient."

17 THE COURT: I agree with you, and those positions  
18 make sense. The question here though, is, however, what  
19 method is described to specifically carry out the idea of  
20 monitoring that is specific enough to constitute an inventive  
21 idea?

22 So say that -- well, you can -- you can define the  
23 patent however you wish. You still have to tell me how the  
24 claims in the patent proposed an adaptation of the idea on a  
25 method that would make this an inventive idea as opposed to

1 running information through an algorithm and setting forth  
2 the results. I have a question as to whether that's  
3 patentable or not.

4 There's a lot of information that's not humanly  
5 perceptible that gets run through an algorithm or gets  
6 summarized on a table or gets organized through a program and  
7 then becomes comprehensible. It's just how does this patent  
8 do that? Where's the language specifying the steps by which  
9 this patent does that in a way that is more than just  
10 gathering information and displaying it?

11 MR. HASAN: Well, your Honor, I can answer that by  
12 looking at slide 17 and 18. If you look at the claim, the  
13 only thing that the defendants focused on in Claim 1 of the  
14 '843, which is an independent claim -- it's not one of the  
15 asserted claims, but it's a claim on which the asserted  
16 claims depend -- they left out for monitoring at least one of  
17 the reliability metrics in the second -- the first element in  
18 the body of the claim.

19 They've left out over a plurality of controls areas  
20 of the electric power grid operated on a plurality of  
21 platforms by the plurality of different business systems or  
22 companies.

23 They've left out the plurality of control areas of  
24 the electric power grid via at least one display computer  
25 having a monitor for displaying a visualization of the

1 metrics being monitored by the monitor computer.

2 The visualization here in this third step, your  
3 Honor, is very significant because they analogize it to a  
4 display. And they cite to the *Diet Goals*, case which is a  
5 case where food items were statically provided on a computer  
6 to show a user to monitor their diet. This is a  
7 visualization. This is not a display. Because if it were  
8 just a display, the word would be "displaying a display" in  
9 the claims, which doesn't make any sense.

10 The visualization are shown on the various screens  
11 of the patent, and these visualizations are very significant.  
12 Take a look, for example, your Honor, at slide 25. This  
13 Figure 29 illustrates an embodiment of asserted Claim 9 of  
14 the '843 patent which recites, "The monitor concurrently  
15 displays at least one dynamic geographic display and a  
16 plurality of data or text panels for at least one of  
17 monitoring, tracking, prediction, actions or mitigations.

18 Similarly, if you look at Figure 27, which focused  
19 on asserted Claim 4 -- I'm sorry, slide 26, your Honor. I'm  
20 sorry. Slide 26 looks at Figure 27 of the patent. It shows,  
21 "Wherein the said applications perform a real-time monitoring  
22 of at least one of system vulnerability, including phasor  
23 measurements and changes thereof or exposure in terms of at  
24 least one of population or cities." That is shown in that  
25 diagram.

1           When you look at the diagrams, all of these  
2           visualizations are rapidly moving visualizations. That is  
3           understood from the patent itself, because the patent is  
4           deriving metrics in real-time, based on fast-moving subsecond  
5           data. So this information is constantly changing. It cannot  
6           be properly analogized under any means, we think, to a static  
7           display of a food item, for example.

8           THE COURT: Very well. Let's have the other side  
9           respond to that.

10           Is it, Ms. Dove?

11           MS. MITCHELL: Ms. Mitchell.

12           THE COURT: Mitchell.

13           MS. MITCHELL: Yes. Thank you, your Honor. And I  
14           apologize. I don't have -- I don't have a slide. I don't  
15           have hard copies.

16           THE COURT: Very well. I want you to respond  
17           directly to their claim saying this method provides real-time  
18           synthesis of information that happened on a very fast basis  
19           and, therefore, is more than just an abstract idea.

20           MS. MITCHELL: Sure. Thank you, your Honor. I had  
21           a lot more slides, but I'll try to get to the rub, I think,  
22           of what we're focusing on at this point.

23           In Terry Winter's declaration, EPG's expert, he  
24           identifies what he claims to be the inventive concept of this  
25           patent. He says, "The claimed system must be real-time," and

1 that's his declaration, paragraph 29, "must be real-time  
2 operating over multiple control areas, derive metrics, and  
3 display visualization of those metrics."

4 The visualization display is simply the output of  
5 the whole system. Displays are well-known technology.  
6 There's case law on that we've cited in the brief. And so  
7 the visualization's not novel. It's simply the output of  
8 whatever the claimed novel system is. I shouldn't even say  
9 "novel." I should say "inventive."

10 Also, I would also point out that since we filed  
11 our brief, the federal circuit decided the case of *Content*  
12 *Extraction vs. Transmission* in December of 2014 where the  
13 federal circuit said it's okay to address representative  
14 claims if others are substantially similar and link to the  
15 same abstract idea. So we'll try to talked to about one  
16 claim in particular in order to focus that analysis.

17 And so as a representative claim we picked '259,  
18 the '259 patent, Claim 1, and the features of that. And,  
19 again, it talks about as your Honor noticed -- it's  
20 receiving, storing, processing, and displaying data. That's  
21 what it does

22 In breaking down what Mr. Winter claims to be the  
23 inventive concept, step by step, he first says it's multiple  
24 control areas. But if you look at the language of the claim,  
25 the claim language only says it's a wide-area system. You

1 have measurements collected over a wide area. You monitor  
2 metrics over a wide area. Derive dynamic stability metrics  
3 indicative of grid stress over the wide area, et cetera.

4 THE COURT: Slow down a little.

5 MS. MITCHELL: You have a graphic user interface to  
6 visualize measurements directed to a wide geographic are.  
7 You accumulate and update wide-area dynamics performance  
8 metrics.

9 Similarly, the '843 patent, Claim 1 --

10 THE COURT: So why is that important? Why is that  
11 significant?

12 MS. MITCHELL: Because he says that's -- he says  
13 that's one of the inventive concepts is this ability to have  
14 this integrated system --

15 THE COURT: If it were true, would it be an  
16 inventive patent?

17 MS. MITCHELL: It would not because of the way that  
18 it's claimed. And inasmuch as the claimed invention, as  
19 claimed, it offers no solution. If his idea is it's this  
20 wide-area system, where's the solution in the claims? That's  
21 really the rub of *Alice*, is that you need to provide some  
22 solution to the technological challenge in the claims, and  
23 that's simply what's not done in any of the claims.

24 The claims don't contain any limitations that would  
25 purport to improve the functioning of routine and

1 conventional wide-area networking technology. They don't --  
2 they don't articulate any improved communications protocols  
3 or communications equipment or data sharing protocols or  
4 interoperability standards or anything along those lines.

5 In fact, Figure 2-C shows us what is envisioned by  
6 this wide-area network. And you simply have this wide-area  
7 reliability monitoring center in the middle plugged into a  
8 wide-area network. So there's nothing magic about this  
9 invention that make it's a wide-area network over what  
10 previously existed or what would be developed in the future.  
11 They simply claim a wide-area network.

12 And, importantly, this type of claiming has very  
13 broad preemptive effect. In our Exhibit 9 -- and we filed a  
14 supplement to that. We realized that portions of this  
15 exhibit had been omitted -- there's a Department of Energy  
16 report from August of 2013 that talks about these very types  
17 of technologies. And in 2013, which is ten years after the  
18 patent priority date, the Department of Energy itself is  
19 still saying the real challenge in these systems is to build  
20 interconnection-wide networks of PMUs, which are phasors,  
21 synchrophasors, that share information across utilities and  
22 regional transmission organizations.

23 And so you have this very broad claim that purports  
24 to cover these wide-area systems and yet doesn't articulate  
25 any improved way to function to achieve that desired

1 result.

2 Mr. Winter also says the system has to be  
3 real-time. But the claims, again, they don't recite any  
4 improved functioning to achieve real-time capabilities. They  
5 just say let's do this in real-time. And, again, it's not  
6 that simple.

7 There's no claim here that synchrophasors are new.  
8 They were cited in the abstract of the patent ad naseum. We  
9 cited that in our briefs. I would also point out in that  
10 same Department of Energy report footnote 5 points out that  
11 PMU's began being used during the 1990's, so synchrophasors  
12 aren't new.

13 More importantly, the claims don't recite anything  
14 that would purport to improve the speed of processing  
15 synchrophasor data.

16 In addition, we cited a supplemental authority that  
17 we filed last week, a decision from the Patent Trial and  
18 Appeal Board, that considered the issue of the meaning of the  
19 word "real-time," and, importantly, in doing so, cited a 1996  
20 publication from the IEEE, the -- and that definition isn't  
21 necessarily as important as it is to understand that that's  
22 from '96. Real-time is a well-understood term between  
23 electrical engineers and things like that. There's nothing  
24 image magic about the claims that makes them more real-time  
25 than anything that came before or anything that might come

1 after.

2           So then we get down to the real rub, which is what  
3 I think your Honor was focused on as well, is there's -- you  
4 have to do something with all this data. There's all this  
5 data processing steps. They're largely wrapped up into claim  
6 limitations that I would call the "derived metrics  
7 limitations." And there's a lot of fancy industry jargon in  
8 those limitations. And Mr. Winter in his declaration,  
9 paragraph 36, also points out that you have to make  
10 meaningful use of the phasor data in order to do anything  
11 with it.

12           But, again, the way that this is claimed, you have  
13 this system that assesses dynamic stability. Again, doesn't  
14 say how to do that. The computer derives in real-time  
15 stability metrics including this laundry list of things using  
16 phasor measurements. It doesn't tell us how to do the  
17 computation. It doesn't tell any improved way to do that  
18 computation and says -- if you read the patent, they don't  
19 claim to have invented synchrophasor applications where you  
20 take the synchrophasor data and you process it to make  
21 meaningful use of the data.

22           In fact, throughout the patent what we see is a  
23 very generic description, Figure 2-B. You have these various  
24 technologies where you make use of the phasor data. State  
25 estimations using phasor data. Figure 14 refers to phasor

1 measurement applications. Figure 4, you have dynamics  
2 monitoring using phasor measurements. So, again, just these  
3 very general ideas of we're going to process this phasor data  
4 in a way to somehow make meaningful use of that data.

5 And, in fact, Mr. Budhraj in his 30(b)(6)  
6 deposition -- and this is attached to docket number 209,  
7 Exhibit H -- he even admits there's nothing new about these  
8 synchrophasor apps. He says, "That's what this solves, to  
9 give everybody the visibility over a wide-area with the same  
10 data with real-time subsecond resolution using standard  
11 algorithms and power system metrics, which are well-known in  
12 use, have been in use forever, I mean, since electricity was  
13 invented."

14 And so really you have this universe of data  
15 processing technology that pre-existed the patents, and  
16 there's nothing in the claims that tells you how to do  
17 anything new and better in terms processing that  
18 synchrophasor data. It simply claims the results that you  
19 should try to get from that in very generic fashion.

20 THE COURT: Very well. I'll have a response to  
21 that.

22 MR. HASAN: Your Honor, the response to that is on  
23 slide 27, which is the machine or transformation test, your  
24 Honor. Now, the machine or transformation test, which was  
25 set forth *In Re Bilski* by the controlling federal circuit,

1 affirmed by the supreme court, is a test that is useful to  
2 determine whether or not there is an abstract idea. And we  
3 miswrote in our opening brief this would be a dispositive  
4 test to determine whether the claims are abstract. It's not  
5 the only test, but it is one test.

6 So, for example, to cite a very concrete case over  
7 here, *SiRF Technology* -- and this is cited in our opening  
8 brief. We have here fast-moving data received from the  
9 electric power grid. It is incomprehensible to a human. A  
10 pencil and paper can do nothing to assist this. The machine  
11 itself is an integral part of the invention. And the way  
12 that it operates on this fast-moving data is to derive into  
13 metrics and then convert the information by juxtaposing it  
14 with the visualization as set forth in the claim so the user  
15 can understand humanly incomprehensible data.

16 If you look at the *SiRF Technology* case, which is  
17 set forth on slide 28, the claims there were directed to an  
18 improved method by which a GPS receiver can better calculate  
19 its position when only weak GPS signals are available. The  
20 court found the claims were patent-eligible as they were tied  
21 to a specific machine, there the GPS receiver. It says, "We  
22 are not dealing with a situation which there is a method that  
23 cannot be performed" -- I'm sorry, "We are not dealing here  
24 with a situation in which there is a method that can be  
25 performed without a machine."

1           Here we have the computer that's attached to  
2 high-speed data and we have the electric power grid. The  
3 electric power grid is a machine, and this passes the machine  
4 or transformation test.

5           The next case that we cited that we put in our  
6 opening brief, your Honor -- I'll skip ahead to slide 34 --  
7 is *Arrhythmia Research*. The federal circuit again in control  
8 precedent held that a method of detecting a certain heart  
9 condition from electrocardiograph data was patent-eligible.  
10 The court said, "These input signals, the electrocardio  
11 signals, are not abstractions. They're related to a  
12 patient's heart function."

13           Importantly, even though the claim method used  
14 known mathematical formulas to convert, process, and compare  
15 the received electrical signals, the court found that the  
16 recited steps transformed under the machine or transformation  
17 test physical processes from one physical or electrical  
18 signal into another. Cited on the bottom of this same slide,  
19 your Honor, *In Re Bilski* itself, which was affirmed by the  
20 Supreme Court, this is at 545 F.3rd 943 at 962-963, they cite  
21 to a supreme court case called *In Re Abel*. And in that it  
22 was a visual representation of a human body based on x-ray  
23 data. That was patent-eligible.

24           We cite two other cases in this section which are  
25 new cases. If you turn back for a moment, forgive me, to

1 slide 30, the patent at issue in *Wavetronix*, which we cited  
2 to docket 231, exhibit C -- it only issued in January of this  
3 year. It was directed to tracking the speed and location of  
4 vehicles as they approach an intersection to improve the  
5 timing of the traffic signal.

6 Turning to the next slide, there was no dispute  
7 that a known mathematical formula was being used by claimed  
8 system to derive the arrival time based on speed and  
9 distance. The court held that this was a patent-eligible  
10 invention because they viewed the system as a whole and what  
11 it did, namely, convert the sensor data from the vehicles  
12 into some other data that could be appreciated as to its  
13 effect.

14 Now, in these cases, except the x-ray case, your  
15 Honor, there was no visualization. There was no  
16 visualization in the graphical representation of the data  
17 that further tied the claims down.

18 This brings us to *DDR Holdings*, which is another  
19 new case, on slide 33, your Honor. This is a federal circuit  
20 case, and, again, it's controlling. In this case what  
21 happened was that it had to do with websites. And when  
22 somebody pressed a link on a website, it would take you to a  
23 page that had a hybrid website that had the original contents  
24 of the website, but it put a border around the website to  
25 make it look like you never left the original website when

1 you pressed the link. That way it retained visitors,  
2 purportedly, to the original site because it didn't move you  
3 to something that looked like a completely different site.

4 That was held independently of all these other  
5 cases to be patent-eligible by the federal circuit because it  
6 was an improvement to the user interface itself.

7 Just like the visualizations that are shown in all  
8 of the diagrams that you can see in the patent, all of those  
9 visualizations -- and in the claims that we pointed out to  
10 you with dynamic geographic visualizations and showing it  
11 juxtaposed with cities -- are ways to interpret  
12 incomprehensible data next to things and juxtaposed with  
13 things that allows somebody to understand this.

14 There's no case, no case, your Honor, that they can  
15 cite, not one, where the abstract idea was something that  
16 could not be performed in the human mind. All of the cases  
17 that you look at, including the *Alice* case, had to do with  
18 traditional types of things such as hedge fund trading, such  
19 as recommending a meal, such as match-making, such as  
20 providing travel recommendations. These are all -- updating  
21 account records, ledgers. All of these can be performed in  
22 the human mind.

23 They just filed on Thursday, your Honor  
24 supplemental authority. We responded to that supplemental  
25 authority on Friday. In our response, because they summarize

1 the cases, we point out that every single one of those cases,  
2 the underlying abstract idea could be easily performed by a  
3 human being. Those are the types of cases that are abstract  
4 ideas, not cases where you're dealing with the underlying  
5 application being high-speed data.

6 In those cases real-time had no consequence.  
7 Real-time had no consequence because whether you called on  
8 the phone or looked at it at a computer, it didn't matter  
9 whether you were giving the meal planning or not. That was  
10 just something that the computer did. Here real-time has  
11 significance.

12 And the reason why it goes to our other point of  
13 what do these claims preempt? You have to look at  
14 preemption. Real-time there are other types of monitoring  
15 that are available and have been available. These are called  
16 state estimation. They're called simulation. Those are not  
17 done in real-time. Those are things that are available.

18 Also, if you look at all of the claim limitations,  
19 they could avoid any of these by using a different type of  
20 visualization. They can avoid it by using state estimation.  
21 They can avoid it by looking at different types of data, by  
22 juxtaposing the data separately. This is not a broad  
23 sweeping type of thing. If you look at the machine or  
24 transformation cases that we saw, none of them were detailed  
25 claims, your Honor. None of them were detailed claims.

1           The *Arrhythmia* case, the *Bilski* case that focused  
2           on the x-rays that was a cite within a cite, these were not  
3           detail cases. They didn't deal with any new formulas.  
4           That's not the test. The test here is the transformation of  
5           data from the incomprehensible, the x-ray of the human body  
6           to something that can be visualized, is something, or making  
7           something -- making sense out of that data. So it's critical  
8           to look at all of that, your Honor, over here.

9           If you look at --

10           THE COURT: Very well, what I'll do is --  
11           Ms. Mitchell, if you want to submit your Power Point hard  
12           copy, I'll consider that. I'll take the matter under  
13           submission, and I'll issue a ruling.

14           When do you think you can submit that Power Point?  
15           If you want me to consider it. You don't have to.

16           MS. MITCHELL: Your Honor, we'd be happy to get  
17           that to the Court.

18           THE COURT: File it by the end of the day today.  
19           Can you do that

20           MS. MITCHELL: I think so, yes.

21           THE COURT: Very well. So the matter stands  
22           submitted. Thank you.

23           MS. MITCHELL: So, your Honor, just so I'm clear,  
24           the slide dec that we just provided?

25           THE COURT: Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MS. MITCHELL: Thank you.

THE COURT: And, again, if -- depending on how I decide this motion, I may have a further hearing on the other motions and/or set further dates. But as of this time the matter stands submitted. Thank you.

MS. MITCHELL: Thank you, your Honor.

(Proceedings Concluded.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF OFFICIAL REPORTER

I, ADELE C. FRAZIER, FEDERAL OFFICIAL REALTIME COURT REPORTER, IN AND FOR THE UNITED STATES DISTRICT COURT FOR THE CENTRAL DISTRICT OF CALIFORNIA, DO HEREBY CERTIFY THAT PURSUANT TO SECTION 753, TITLE 28, UNITED STATES CODE THAT THE FOREGOING IS A TRUE AND CORRECT TRANSCRIPT OF THE STENOGRAPHICALLY REPORTED PROCEEDINGS HELD IN THE ABOVE-ENTITLED MATTER AND THAT THE TRANSCRIPT PAGE FORMAT IS IN CONFORMANCE WITH THE REGULATIONS OF THE JUDICIAL CONFERENCE OF THE UNITED STATES.

DATED THIS 20th DAY OF MAY, 2015

/S/ ADELE C. FRAZIER

\_\_\_\_\_  
ADELE C. FRAZIER, CSR No. 9690, CRR, RMR  
FEDERAL OFFICIAL COURT REPORTER